

1 UNITED STATES DISTRICT COURT
 2 DISTRICT OF SOUTH DAKOTA
 3 NORTHERN DIVISION
 4 SIOUX RURAL WATER SYSTEM, INC., a
 5 Non-Profit Corporation,
 6 Plaintiff, Civil No.
 7 vs. 15-1023
 8 CITY OF WATERTOWN, a South Dakota
 9 Municipality, and WATERTOWN
 10 MUNICIPAL UTILITIES, an agency of
 11 the CITY OF WATERTOWN,
 12 Defendants.

13 DEPOSITION OF RICHARD WAGNER

14 DATE: Tuesday, September 20, 2016
 15 PLACE: AE2S, Inc.
 16 4170 South 28th Avenue
 17 Fargo, North Dakota
 18 TIME: 3:17 p.m.
 19 REPORTED BY: Deanna L. Sager, R.P.R., R.M.R.

1 CONTENTS
 2 WITNESSES
 3 PAGE
 4 RICHARD WAGNER
 5 Examination by Mr. Cole 4
 6 Examination by Mr. Hieb 30

7 EXHIBITS
 8
 9 EXHIBIT NO. DESCRIPTION MARKED
 10
 11 (NONE)
 12
 13
 14
 15
 16
 17
 18

1


3

1 APPEARANCES
 2
 3 FOR THE PLAINTIFF:
 4 Zimmer, Duncan and Cole, L.L.P.
 5 Attorneys at Law
 6 5000 South Broadband Lane
 7 Suite 119
 8 Sioux Falls, South Dakota 57108
 9 By: Jeff Cole
 10 jcole@zdcclaw.com
 11
 12 FOR THE DEFENDANTS:
 13 Richardson, Wyly, Wise, Sauck & Hieb, LLP
 14 Attorneys at Law
 15 1 Court Street
 16 P.O. Box 1030
 17 Aberdeen, South Dakota 57402
 18 By: Jack H. Hieb
 19 jhiebr@rwsh.com
 20 jstucke@rwsh.com
 21
 22
 23
 24
 25

1 PROCEEDINGS
 2 (Whereupon, the deposition of RICHARD
 3 WAGNER commenced at 3:17 p.m. as follows:)
 4 RICHARD WAGNER,
 5 HAVING BEEN FIRST DULY SWORN TO TESTIFY THE TRUTH,
 6 THE WHOLE TRUTH, AND NOTHING BUT THE TRUTH, RELATIVE
 7 TO THE CAUSE SPECIFIED, TESTIFIED AS FOLLOWS:
 8 EXAMINATION
 9 BY MR. COLE:
 10 Q. Could you please state your name?
 11 A. Richard Wagner.
 12 Q. Is it okay today if I refer to you as
 13 Richard?
 14 A. Richard is fine.
 15 Q. Okay. Richard, have you ever had your
 16 deposition taken before?
 17 A. I have not.
 18 Q. If I ask you a question that you don't
 19 understand, please tell me that. Otherwise I'm going
 20 to assume that you understood the question. Is that
 21 agreeable to you?
 22 A. Yes.
 23 Q. And I'll try to let you finish your
 24 answer before I ask the next question, and I'd ask
 25 you to let me ask you the question before you give
 your answer so the court reporter can get everything

2

4



1 just annotate your report for him after we're done
2 today with the sections that you're referring to on
3 the 10 States Standards?

4 THE WITNESS: I would think -- I would
5 think we could do it in a couple hours.

6 MR. HIEB: All right, fine, we'll do
7 it.

8 Q. (Mr. Cole continuing) Okay. Did you
9 read, as part of your analysis in this case, did you
10 read Darin Schriever's deposition?

11 A. Not thoroughly, but I did skim it.

12 Q. Did you read Darin Schriever's report?

13 MR. HIEB: That's it.

14 A. This one? Yes.

15 Q. Do you have any specifics where you
16 disagree with what Darin put in his report?

17 A. I guess I should back up. I read the
18 report, but I did not necessarily go through the
19 financial data in here. As far as your other
20 question about any areas I disagreed with his report,
21 I did not disagree with it. I used his report
22 primarily as information source. And that's what I
23 used when I prepared my document.

24 Q. Darin has the opinion or had the
25 opinion that Sioux could add customers on what we're

17

1 are still there; correct?

2 A. That's correct.

3 Q. Which means that Sioux may very well,
4 even in your opinion, be able to serve new customers
5 on the west side with these improvements being made;
6 correct?

7 A. With proper improvements they could add
8 users on the distribution system.

9 Q. Do you have any facts that have been
10 brought to your attention or do you know any facts
11 that suggest that Sioux hasn't been able to serve any
12 of its current customers on the west side?

13 A. I don't have any of that information.

14 Q. Do you have any information that
15 Sioux's not been able to serve its customers on the
16 east side of Watertown?

17 A. I don't have any of that information.

18 Q. One of the things that was discussed in
19 this report was fire flow. You understand that?

20 A. I do.

21 Q. Who told you to include fire flow as a
22 consideration?

23 A. The fire flow is -- well, was factored
24 into the users on the east side of Watertown. And
25 because they -- because I was under the impression

19

1 referring to here as the west side. Do you agree or
2 disagree with that?

3 A. Based on the -- based on the treatment
4 capacity that I was led to believe in this report,
5 they are -- would be short of water during
6 peak -- instantaneous peak demand periods.

7 Q. Okay. Are you aware of the pipeline
8 improvements that Sioux was going to make on the west
9 side?

10 A. There was some reference to those
11 improvements, but I did not look at the improvements
12 that they were proposing. I looked at the existing
13 or current system as it sits now.

14 Q. And I'll tell you that it's been
15 represented to me that those improvements, as far as
16 the pipelines and the pumping stations, have been
17 completed on the west side. Okay? You didn't do any
18 analysis of what the ability to serve would be once
19 those improvements were made.

20 A. I did not.

21 Q. And one of the things that's noted in
22 your report is pressure problems on the west side.

23 A. Correct.

24 Q. With these improvements, you can't say
25 one way or the other whether those pressure problems

18

1 that since they currently have fire flow, the fire
2 flow discussion should be included.

3 Q. Do you know, with the rural water
4 systems that you work with, is it your understanding
5 that they're required to provide fire flow?

6 A. No.

7 Q. It's your understanding that they're
8 not required to provide fire flow; correct?

9 A. Correct.

10 Q. But in this report you included fire
11 flow. True?

12 A. Correct.

13 Q. Why did you do that?

14 A. There are current users -- or there are
15 users that Sioux Rural Water is looking to add to
16 their system, and those users who are getting water
17 from Watertown do have a fire flow demand or
18 requirement. So if those users are being -- are to
19 be served by Sioux Rural Water, it should be a
20 consideration or at least allow Sioux Rural Water the
21 option to inform those potential new users that they
22 will not be getting fire flow from Sioux Rural Water.

23 Q. Don't you agree that those customers
24 that you've identified on the east side, or potential
25 customers, that are actually being served by

20

1 MR. COLE: Can you go back and read the
2 question that we're at issue here? I'm sorry to make
3 you do that.

4 MR. HIEB: I think the question is, "Do
5 you think that's fair?" That's the question.

6 THE COURT REPORTER: "Do you think
7 that's fair?"

8 A. I don't know if I have an opinion on if
9 it was fair or not. All I can say is that I was
10 asked to do a specific task, and that's what I did.

11 Q. (Mr. Cole continuing) Well, you're
12 analyzing Sioux's capacity to serve; right?

13 A. We reviewed the ability to serve, yes.

14 Q. And Sioux's made some improvements to
15 their facilities in that area; true?

16 A. According to you, they have. I don't
17 know that for a fact.

18 Q. That's true according to me. But I've
19 been told that they've been made and they're actually
20 using it right now.

21 A. Okay.

22 Q. That's relevant to the issue of the
23 ability to serve customers in that area, isn't it?

24 MR. HIEB: I object. That calls for a
25 legal conclusion. Go ahead.

25

1 Q. Then how about the one underneath that
2 says it starts with 400 gpm.

3 A. The -- yeah, I do reference 10 States
4 Standards there. And in that case they -- in that
5 case the water treatment plant is not sized to meet
6 10 States Standards -- excuse me, not sized to meet
7 maximum day demand.

8 Q. Per the 10 States Standards?

9 A. I believe so. I would have to
10 re-review that to verify that.

11 Q. And then the next one is 450 gpm.
12 "Capacity appears deficient. Exceeds filter loading
13 rate of 400 gpm. Manganese breakthrough per WSAR."
14 What's that one based on?

15 A. That's based on treatment objectives.
16 Assumed treatment objectives of Sioux Rural Water.

17 Q. And manganese, as I understand it,
18 you've noted in your report is a cosmetic issue.

19 A. That's correct.

20 Q. It doesn't affect the safety of the
21 drinking water.

22 A. It does not.

23 Q. Then we go to page 13. West side.

24 Capacity appears deficient. That's also based on the
25 10 States Standards?

27

1 A. If they made improvements, those
2 improvements would affect the ability to serve those
3 users.

4 Q. Okay. And Darin Schriever has opined
5 that he believes that with these improvements Sioux
6 would be able to serve 200 additional customers in
7 that west side area. Do you agree or disagree with
8 that?

9 A. I have no opinion on that.

10 Q. Okay. When you, in your report in
11 Table 7 here on page 12 and 13, when you say capacity
12 appears to deficient, is that based on the
13 10 States Standards?

14 A. In some cases.

15 Q. Okay. Which cases?

16 A. So, let's see, the first one is the
17 filters it looks like. That one references 10 States
18 Standards in the fact that 10 States Standards says
19 that if you only have two filters, each filter needs
20 to be able to meet the maximum day demand. In this
21 case it requires both filters to meet the maximum day
22 demand.

23 Q. So that one's based on the 10 States
24 standards.

25 A. Yes.

26

1 A. Yes.

2 Q. Then on the east side it says,
3 "Acceptability of capacity is unknown."

4 A. Correct.

5 Q. And on the fire flow demands you say
6 the capacity appears deficient, but that's not based
7 on the 10 States Standards, is it?

8 A. No.

9 Q. That's based on what you were told to
10 analyze; correct?

11 A. That -- yeah, that was based on the
12 ability to provide an assumed fire flow. I don't
13 know how much fire flow they would be able to provide
14 so it's...

15 Q. And same thing with regard to the east
16 side. True?

17 A. Correct.

18 Q. The filtration capacity issue that
19 you've identified here, that's an issue that can be
20 addressed by a rural water provider, isn't it?

21 A. With improvements?

22 Q. Yeah.

23 A. Yeah, you can make improvements and you
24 can -- yeah.

25 Q. And it's a pretty quick fix, isn't it?

28